

## Fax Cover Page

To: David Burrows

Fax # 1-866-422-2823

From: Carrie Clites

Phone 214-404-8705

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

REPORTER'S RECORD

VOLUME 1 OF 1 VOLUME

TRIAL COURT CAUSE NO. [REDACTED]

THE STATE OF TEXAS	)	IN THE COUNTY CRIMINAL
vs.	)	COURT NUMBER NINE OF
[REDACTED]	)	TARRANT COUNTY, TEXAS

\*\*\*\*\*

EXCERPT OF PROCEEDINGS

TESTIMONY OF WITNESS

MARK FONDREN

\*\*\*\*\*

COPY

On the 11th day of September, 2007, the following  
excerpt of proceedings came on to be heard in the  
above-entitled and numbered cause before Honorable Brent  
A. Carr, Judge Presiding, held in Fort Worth, Tarrant  
County, Texas:

Proceedings reported by machine shorthand.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

A P P E A R A N C E S

FOR THE STATE OF TEXAS:

~~████████████████████~~  
District Attorney's Office  
SBOT NO. ~~██████████~~  
401 West Belknap  
Fort Worth, Texas 76196  
(817) 884-1400

AND

Ms. ~~████████████████████~~  
District Attorney's Office  
SBOT NO. ~~██████████~~  
401 West Belknap  
Fort Worth, Texas 76196  
(817) 884-1400

FOR THE DEFENDANT:

Mr. Craig Dameron  
Attorney at Law  
SBOT NO. TX24032113  
3541 B Airport Freeway  
Fort Worth, Texas 76111  
(817) 222-0624

AND

Mr. Jacob Jenkins  
Attorney at Law  
SBOT NO. 24036840  
~~4514 Cole Avenue, Suite 600~~ 1700 Pacific Ave., Ste 1860  
Dallas, Texas ~~75205~~ 75201  
(214) 559-3311

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

I N D E X

(Volume 1)

September 11th, 2007

PAGE    VOL

Caption.....	1	1
Appearances.....	2	1
Proceedings.....	3	1
Witness Sworn.....	4	1

STATE'S WITNESS      DIRECT    CROSS    VOIR DIRE

Mark Fondren	4	23	--	1
--------------	---	----	----	---

Court Reporter's Certificate.....	48	1
-----------------------------------	----	---

P R O C E E D I N G S

1  
2 September 11th, 2007, 9:58 a.m.

3 (Open court, defendant present, no jury)

4 (Witness Mark Fondren seated in witness  
5 stand)

6 THE COURT: Before we start -- I like the  
7 Fondren, but where were we at this morning?

8 MR. FONDREN: I don't know. Which case is  
9 this?

10 THE COURT: Well, this -- I want you to  
11 take a couple of -- because I've already -- I'm not  
12 trying to shoot the messenger here, but for the record,  
13 I've already scheduled it on the judges' agenda on --  
14 I'm not criticizing the quality of the work, but the  
15 Medical Examiner's Office doesn't get to choose when I  
16 start court; and I start at 9:00 o'clock. There's  
17 another court waiting for me. I want you to take the  
18 message back that if they don't have enough people doing  
19 this job, they need to get some more because the next  
20 time I don't have a good reason for delaying the start  
21 of my court, then we're just not going to have a breath  
22 test because I'm going to proceed without it. Now, that  
23 might be fine with the Medical Examiner, but that's  
24 what's going to happen. All right?

25 MR. FONDREN: Well, I think I --

1 THE COURT: I don't need an explanation.

2 MR. FONDREN: That's fine.

3 THE COURT: I just want you to deliver  
4 that message.

5 MR. FONDREN: That works for me.

6 THE COURT: Is the State ready?

7 MR. [REDACTED]: State's ready.

8 MR. DAMERON: He ran to the restroom.  
9 He'll be right back. Five seconds. Sorry, Judge.

10 THE COURT: All right.

11 (Brief pause)

12 THE COURT: Jury, please.

13 (Jury returned into court at 10:00 a.m.)

14 THE COURT: All right. Members of the  
15 jury, the State has called its next witness.

16 Sir, if you would please state your name.

17 THE WITNESS: Mark Fondren.

18 THE COURT: Raise your right hand.

19 (Witness sworn)

20 THE COURT: Proceed.

21 MR. [REDACTED]: Thank you, Judge.

22 MARK FONDREN,

23 having been duly sworn, testified as follows:

24 DIRECT EXAMINATION

25 BY MR. [REDACTED]

1 Q. Good morning, Mr. Fondren.

2 A. Good morning.

3 Q. How are you currently employed?

4 A. I'm employed as a senior forensic chemist with  
5 the Tarrant County Medical Examiner's Office.

6 Q. About how long have you been employed in that  
7 capacity?

8 A. I'm in my thirteenth year.

9 Q. What are your current duties and  
10 responsibilities as a technical supervisor?

11 A. In that capacity, I oversee and manage the  
12 breath alcohol testing program of Tarrant County  
13 supervising various breath testing operators,  
14 maintaining various breath testing instruments used at  
15 various law enforcement communities, and then explain  
16 alcohol-related issues in court cases such as this.

17 Q. If you could tell the jury a little bit about  
18 your educational background.

19 A. I hold a bachelor of science from Baylor  
20 University, a master of science also from Baylor. I  
21 completed my post-graduate work at Ohio State  
22 University. And I'm board certified as a diplomat by  
23 the Forensic Toxicology Certification Board.

24 Q. And what special training have you had  
25 regarding the operation and maintenance of the

1   intoxilyzer instrument model number 5000?

2           A.    With that instrument, I used to be a certified  
3 operator: a course which I now teach as part of the  
4 faculty at Tarrant County College. I'm certified by the  
5 manufacturer to properly repair and calibrate the  
6 instrument.

7           Q.    In the course of that training, have you also  
8 learned about the effects of alcohol on a person's  
9 mental and physical faculties?

10          A.    I have.

11          Q.    Can the consumption of alcohol affect a  
12 person's ability to operate a motor vehicle safely?

13          A.    It does.

14          Q.    How does it do that?

15          A.    In very general terms, as the alcohol  
16 concentration in the body rises, our ability to safely  
17 operate a motor vehicle declines.

18          Q.    Do you hold memberships in any scientific or  
19 professional organizations?

20          A.    I do.

21          Q.    Would you please list those for the jury.

22          A.    I'm the past president of the Alcohol Testing  
23 Alliance. And the group I'm most active with at this  
24 point in my career is Southwestern Association of  
25 Toxicologists.



1 Q. And have you also written or contributed to any  
2 professional publications or articles?

3 A. I have.

4 Q. Would you list those for the jury.

5 A. Not going into the boring titles, typically  
6 what I write now are unique cases that come across my  
7 desk, something that sets it apart from all of the other  
8 cases that pass my desk. I'll write a short abstract  
9 about that, present it at one of the professional  
10 meetings that I attend allowing my peers to gain some  
11 information on why I thought the case was interesting,  
12 and allowing them to ask me questions about that  
13 particular case.

14 Q. And are those articles subject to peer review?

15 A. Some are, some are not. Depends upon the  
16 actual journal that it's going to.

17 Q. Have you conducted any experiments as to the  
18 workings and reliability of the intoxilyzer instrument  
19 model number 5000?

20 A. I have.

21 Q. Have you conducted any experiments yourself as  
22 to the effect of alcohol on a person's mental or  
23 physical faculties?

24 A. I have.

25 Q. Are you presently certified by the Texas

1 Department of Public Safety as a technical supervisor?

2 A. I am.

3 Q. What was -- What do you have to do to receive  
4 that certification?

5 A. At a minimum, one must have a bachelor's degree  
6 in one of the core sciences: biology, chemistry or  
7 physics, with at least 18 hours of chemistry. After  
8 that, one will attend a course to be a certified breath  
9 test operator. You would then attend a course at the  
10 manufacturer's facility learning how to repair and  
11 calibrate the instrument. You would then attend a  
12 course at Indiana University relating to the toxicology  
13 of alcohol and the human body. After that, you sit for  
14 a number of proficiency exams with the Department of  
15 Public Safety. Assuming you pass those, then you're a  
16 technical supervisor.

17 Q. And were you certified by the Texas DPS on June  
18 23rd of 2006?

19 A. I was.

20 Q. Based upon your studies and your own  
21 experience, do you have a personal opinion as to the  
22 alcohol concentration at which a person does not have  
23 the normal use of his or her mental or physical  
24 faculties?

25 A. I do.

1 Q. What is your opinion?

2 A. It's my opinion that all individuals once they  
3 reach a level of .08 have enough impairment from alcohol  
4 that they shouldn't be operating a motor vehicle.

5 Q. Why do you hold that opinion?

6 A. It's based upon three relevant factors. First  
7 is the scientific literature on the subject. Secondly  
8 would be my experiences in dosing people with alcohol.  
9 Thirdly would be my experiences where I myself am dosed  
10 with alcohol.

11 Q. And are you familiar with the basic underlying  
12 scientific theory that the intoxilyzer instrument is  
13 based upon?

14 A. I am.

15 Q. Could you please explain that to the jury?

16 A. The instruments apply a technique called  
17 infrared spectroscopy. First, let me define what is  
18 infrared. Infrared is a type of light or, actually, energy  
19 that's invisible to our eyes, yet we come into contact  
20 with it on a daily basis. Common examples are electric  
21 stoves. When we turn on the eye of the stove, we feel  
22 heat. Heat is, in fact, infrared energy.

23 In the world of chemistry, infrared is  
24 interesting because we can take a sample, whether it be  
25 a solid sample, a liquid sample or, in breath testing, a

1 vapor sample and by passing a beam of infrared energy  
2 through our sample, we get a unique chemical  
3 fingerprint. Either instrument or a chemist can  
4 interpret that fingerprint and determine what chemical  
5 compounds are present in that sample. So in breath  
6 testing and what the intoxilyzer is going to do, it will  
7 collect a breath sample from a given individual and pass  
8 a beam of infrared energy through that sample. By  
9 looking at the unique fingerprint, the instrument will  
10 determine if there is alcohol present in that sample.  
11 If there is, it will then quantitate or tell us how much  
12 alcohol is present in that sample.

13 Q. And is that theory considered valid by the  
14 scientific community?

15 A. It is.

16 Q. Can you explain how the intoxilyzer instrument  
17 actually applies that theory?

18 A. Well, naturally, there would be an infrared  
19 source, an infrared detector, and we have a number of  
20 breath samples, whether it be air blanks or breath  
21 samples from a given individual, that the instrument  
22 would analyze for the presence of alcohol.

23 Q. And was that technique properly applied in  
24 this case?

25 A. Naturally, since I was wasn't present at the

1 time of the test, I couldn't say what the operator did  
2 or didn't do, but I can see that all of the steps were  
3 completed and we did obtain results.

4 Q. Do you know an intoxilyzer operator by the name  
5 of Esteban Martinez?

6 A. I do.

7 Q. And was Esteban Martinez certified on July 23rd  
8 of 2006 by the Texas Department of Public Safety as an  
9 intoxilyzer operator?

10 A. He was.

11 Q. Sorry, I think I may have misspoken. On the  
12 day of June 23rd of 2006?

13 A. He was.

14 Q. Thank you.

15 Mr. Fondren, are you familiar with  
16 intoxilyzer instrument model number 5000, serial number  
17 SN 68-002474?

18 A. I am.

19 Q. How are you familiar with that instrument?

20 A. It's owned and operated by the Fort Worth  
21 Police Department. It's one of the instruments that I  
22 supervise.

23 Q. And just in general, has the intoxilyzer  
24 instrument model been approved and/or certified by the  
25 scientific director of Texas DPS?

1 A. It has.

2 Q. How about that specific instrument that we just  
3 identified: serial number 68-002474?

4 A. It has as well.

5 Q. All right. And that -- Again, that particular  
6 instrument that we just referenced, was it certified by  
7 the scientific director of Texas DPS on June 23rd of  
8 '06?

9 A. It was.

10 Q. Additionally, was that instrument part of the  
11 breath alcohol testing program which was certified by  
12 the scientific director of Texas DPS?

13 A. It is.

14 Q. Now, as part of your duties, are you  
15 responsible for the maintenance and monitoring of that  
16 particular instrument that we referenced?

17 A. Yes.

18 Q. Please describe for the jury, if you would, the  
19 maintenance of the instrument and how it involves  
20 certain checks and how often those checks are done.

21 A. There are two types of checks that I'll utilize  
22 to determine if the instruments are operating correctly  
23 or not. One is what's called a modem check. Several  
24 times a week when I'm there at the office, I'll call  
25 each of the instruments that I supervise over a modem

1 line. I'll run a series of diagnostic tests. By  
2 evaluating the results of those tests, I'll reach a  
3 conclusion about that instrument's performance. Any  
4 notes I make or conclusions that I draw are placed in  
5 the file in the maintenance and inspection history of  
6 that instrument.

7           The second type of check is what's called  
8 an on-site inspection. Either I will personally go out  
9 and visit the instrument or one of the other technical  
10 supervisors will visit each of the instruments at least  
11 once a month. We'll look at the overall condition of  
12 the instrument. We'll make notes about the instrument's  
13 performance. And we'll actually take a number of breath  
14 tests ourselves. And, again, any notes or conclusions  
15 we draw are placed in the file of the maintenance and  
16 inspection history of that instrument.

17       Q.    Thank you.

18           What was the closest date before June 23rd  
19 of 2006 that that particular instrument was checked?

20       A.    Looking at some notes, the instrument was  
21 actually checked via modem on the 23rd. Prior to that,  
22 the closest modem check would be on the 22nd. And my  
23 previous on-site inspection would be on the 5th of June.

24       Q.    And what was the operational condition of the  
25 instrument during those checks?

1           A.    On all of the dates I just mentioned, the  
2 instrument was operating correctly.

3           Q.    How about after that date? After June 23rd of  
4 '06, what was the closest date that the instrument was  
5 checked?

6           A.    My next modem check was on the 29th of June.  
7 And then my next on-site inspection was on July 3rd.

8           Q.    How about on those checks? Was the operational  
9 condition of the instrument okay?

10          A.    It was.

11          Q.    All right. Now, did that instrument that we're  
12 talking about require any repairs or any type of things  
13 like that between the dates you checked it?

14          A.    It did not.

15          Q.    Do you have an opinion based upon your  
16 experience and training as to the operational condition  
17 of that specific intoxilyzer instrument on June 23rd of  
18 2006?

19          A.    I do.

20          Q.    What is your opinion?

21          A.    The instrument was operating correctly.

22                   MR. [REDACTED]: Your Honor, may I approach?

23                   THE COURT: Yes.

24          Q.    (By Mr. [REDACTED]) Mr. Fondren, I'm going to show  
25 you here what's been marked as State's Exhibit 3. If



1 you'll just take a look at that for me and just explain  
2 to the jury without going into detail what that is  
3 you're looking at there.

4 A. It's a copy of a breath test record with the  
5 unique breath test record of GN08674.

6 Q. And as part of this subject test or breath  
7 test, is the operator of the instrument required to have  
8 the subject continually -- continuously in his or her  
9 presence for a certain period of time?

10 A. They are.

11 Q. What is that period of time?

12 A. The period is at least 15 minutes.

13 Q. And what is the purpose of that 15-minute  
14 requirement?

15 A. The purpose is actually two-fold. First, it's  
16 a specific requirement within the rules and regulations  
17 that govern breath testing that the operator have that  
18 individual in their presence for at least 15 minutes.  
19 Secondly, the reason we have it as a strict requirement  
20 is we want the operator to take steps during that time  
21 period to ensure the subject doesn't, one, consume any  
22 other alcoholic beverages, place any foreign substances  
23 in their mouth, or regurgitate any stomach contents that  
24 may or may not contain alcohol back up into the mouth.

25 Q. Does that test record indicate that the proper

1 methods and testing techniques were followed in  
2 administering the test?

3 A. This test record, as with all test records,  
4 makes no mention of the 15-minute-observation period.  
5 But by looking at the test record, I can see that all of  
6 the steps were completed and we did obtain results.

7 Q. How can you tell that from observing just the  
8 test record?

9 A. The test record is what we call a complete test  
10 record; that is, it has both paragraphs: the second  
11 paragraph being the analytical data and the first  
12 paragraph being what we call biographical data.

13 Q. When we talk about reference analysis solution,  
14 what is that?

15 A. The reference solution is basically a quality  
16 control sample that's run with each and every breath  
17 test. It is a known composition; that is, we know how  
18 much alcohol is present in that sample. The instrument  
19 analyzes that sample with each and every breath test.  
20 It prints that value on the test record. That allows me  
21 or anybody that looks at the test record to get some  
22 idea as to the instrument's performance at exactly that  
23 time.

24 Q. Who prepares those solutions?

25 A. For instruments up here, I do.

1 Q. How are those solutions prepared?

2 A. They're simply prepared by taking a known  
3 amount of water and a known amount of alcohol and mixing  
4 the two together in the proper concentration.

5 Q. How about the reference samples? Are those  
6 periodically checked?

7 A. They would be.

8 Q. How is that done?

9 A. Before a lot of solution would ever be used out  
10 in the field, it would be tested there at the laboratory  
11 to make sure that I mixed it up to what I was intending.  
12 I would then send it to an additional group of analysts  
13 for them to analyze. Once all the reports come back and  
14 that batch is deemed acceptable, it is placed in the  
15 field. Then it would be checked daily as part of the  
16 modem checks or on-site inspection.

17 Q. How and by who is the predicted value entered  
18 into the Intoxilyzer 5000?

19 A. It's entered by me when I'm on-site at each of  
20 the locations.

21 Q. And does the test record reflect that you have  
22 there that the reference analysis was within the  
23 tolerance of the predicted reference value?

24 A. It does.

25 Q. Mr. Fondren, what happens if the reference

1 sample is out of tolerance?

2 A. If that value is either too high or too low as  
3 compared to the predicted value, the instrument stops  
4 the testing at that point. We would not have any  
5 analytical results in the test record. We will simply  
6 have a message indicating the reference was outside its  
7 allowed window.

8 Q. The test record you have there in front of you  
9 says alcohol concentration. What does that word mean or  
10 those words mean?

11 A. We define alcohol concentration for a breath  
12 test referring to number of grams of alcohol present per  
13 210 liters of breath.

14 Q. If you would, please, tell the jury the steps  
15 the operator of the instrument is to follow in  
16 performing the breath sample testing?

17 A. The operator is first going to have an  
18 individual in their presence for at least 15 minutes.  
19 During that time period, there can be a video that's  
20 being made, there can be some paperwork that's being  
21 taken care of. If those are not occurring or are  
22 completed, there can be idle conversation between  
23 everybody who's present.

24 After that, the operator would initiate  
25 the test. First, he will have to enter some

1 biographical data into the instrument: name, date of  
2 birth, his name, things like that.

3           Then we'll start the analytical steps;  
4 first of which is called the air blank. The purpose of  
5 the first air blank is to completely purge the sample  
6 chamber. The instrument is also setting a baseline  
7 saying this is what we will call 000.

8           After that, the operator would ask the  
9 subject to take a deep breath, provide a long, steady  
10 breath to the instrument. Once that sample is analyzed,  
11 then the instrument will do a second air blank. The  
12 purpose of the second air blank is to completely remove  
13 the first sample from the instrument, also to make sure  
14 that it goes back to read 000.

15           After that, the instrument will perform  
16 the reference test. This is the quality control sample  
17 that I spoke of earlier. It will analyze that sample,  
18 followed by another air blank, at which time the subject  
19 would be asked to provide a second air sample. In  
20 breath testing, just like in most areas of science, we  
21 collect our samples in duplicate.

22           Then the instrument will do a final air  
23 blank. As long as that last air blank does go back to  
24 read 000 and the instrument prints a test record, the  
25 operator signs it, and we're done.

1 Q. Now after the operator has completed those  
2 steps, what happens next?

3 A. After the test is printed and the operator  
4 signs it, then the breath testing portion of the evening  
5 is completed. Everybody would go about their merry way.

6 Q. Now, does the -- Does the instrument actually  
7 perform some steps by itself automatically without the  
8 assistance of the operator?

9 A. It does.

10 Q. Could you please describe for the jury the  
11 steps the instrument performs by itself?

12 A. The instrument is going to do basically  
13 everything by itself except, naturally, the operator has  
14 to type in the biographical information and the subject  
15 would have to be the one to step forward and provide the  
16 two breath samples at the appropriate time.

17 MR. [REDACTED]: Your Honor, may I approach  
18 once again?

19 THE COURT: (Moves head up and down)

20 MR. [REDACTED]: Let me go ahead and tender  
21 State's Exhibit 3 to Defense Counsel and offer it into  
22 evidence.

23 MR. JENKINS: No objection.

24 THE COURT: State's Exhibit 3 is admitted.

25 MR. [REDACTED]: Thank you, Your Honor.

1                   Your Honor, just for demonstrative  
2 purposes, also, if Mr. Fondren wouldn't mind just  
3 looking at this briefly before I publish it to the jury.  
4 It's just a blown-up sample of State's Exhibit No. 3.

5                   THE WITNESS: I see.

6                   MR. ████████: Just for those with maybe some  
7 sight issues. Also, may I go ahead and publish State's  
8 Exhibit 3 to the jurors?

9                   THE COURT: You may.

10                   (State's Exhibit No. 3 published)

11                  Q.    (By Mr. ████████) For those who haven't had a  
12 chance to see it yet, Mr. Fondren, or they can't see it  
13 up here, what were the two results we received on this  
14 particular breath test?

15                  A.    The first sample indicated a value of .123  
16 grams of alcohol per 210 liters of breath, and the  
17 second sample indicated a value of .118 grams of alcohol  
18 per 210 liters of breath.

19                  Q.    Were these two samples that were taken within  
20 the allowed tolerance specified by the scientific  
21 director of Texas DPS?

22                  A.    They were.

23                  Q.    And what is the specified tolerance for breath  
24 samples?

25                  A.    The two samples can only differ by a value of

1 .020 or less.

2 Q. What happens if the two breath samples happen  
3 to not be in tolerance?

4 A. If the difference is greater than 020, then the  
5 instrument would stop the testing at that point and we  
6 would not see any of the analytical results. We would  
7 simply see a message that says the two breath samples  
8 differ by more than .020.

9 Q. And are the subject's results an expression of  
10 the number of grams of alcohol per 210 liters of breath?

11 A. They are.

12 Q. Additionally, are the results of [REDACTED]  
13 [REDACTED] breath analysis equal to or greater than an  
14 alcohol concentration of 0.08?

15 A. These results would be higher.

16 Q. And lastly, Mr. Fondren, in your opinion, would  
17 a person with an alcohol concentration of .123 or .118  
18 have lost the normal use of his mental or physical  
19 faculties?

20 A. As it pertains to the operation of a motor  
21 vehicle, yes.

22 MR. [REDACTED]: Pass the witness, Your  
23 Honor.

24 THE COURT: Mr. Jenkins.

25 MR. JENKINS: Thank you, Your Honor. May



1 I approach?

2 THE COURT: (Moves head up and down)

3 MR. JENKINS: Your Honor, may I approach  
4 the witness.

5 THE COURT: (Moves head up and down)

6 CROSS-EXAMINATION

7 BY MR. JENKINS:

8 Q. Mr. Fondren, earlier I saw you referring to  
9 some documents. It looked like some sort of notes.

10 A. Correct.

11 Q. May I see those?

12 A. Sure.

13 (Pause in the proceedings)

14 Q. There you go, Mr. Fondren. Thank you.

15 A. Uh-huh.

16 Q. All right. You talked about, earlier, a series  
17 of tests that the machine goes through to make sure  
18 everything is working right; is that correct?

19 A. I didn't talk to you anything about that. I  
20 talked to about my role and what I do.

21 Q. Okay. Well, the machine does certain things to  
22 check on to make sure the machine is working and that  
23 the conditions are right; is that correct?

24 A. The instrument -- Every time the breath test is  
25 done, the instrument will perform what's called a

1 diagnostic --

2 Q. Sorry. I'm not referring to -- What I'm  
3 talking to you about is the air blank. You said earlier  
4 it sends an air blank to make sure to do a baseline.  
5 And one of the reasons for that is to make sure that  
6 there's not alcohol in the air; is that correct?

7 A. That's true.

8 Q. And, in fact, if it does show something, it's  
9 going to show an ambient fail; is that correct?

10 A. If there's a significant amount of alcohol,  
11 then it does an ambient fail. It will continue to --  
12 In the alternative, it continues to get down to reach a  
13 zero. If it's eventually unsuccessful, it ambient  
14 fails.

15 Q. Now, in your experience, how many breath test  
16 slips do you think you've seen?

17 A. In my career?

18 Q. Yes.

19 A. 50,000, 60,000. I have no idea.

20 Q. Have you ever seen anything other than .000 on  
21 the air blank?

22 A. No, the only thing it will accept is 000. The  
23 alternative is an ambient fail.

24 Q. Now, what is the reading before it registers an  
25 ambient fail?

1 A. Anything greater than -- I'd have to go back  
2 and look it up. I believe it's .01.

3 Q. Okay. So, as to the ambient fail, if it has  
4 something like .009, it will still read .00?

5 A. If you have .009 in the room air, for example,  
6 then, yes, it will accept that as a zero. The  
7 instrument will set that as your baseline. And then it  
8 equates .009 as equal to zero.

9 Q. So just because it says .00 doesn't mean that  
10 there couldn't be some point under .01; is that correct?

11 A. Sure.

12 Q. You also talked about the reference sample  
13 quite a bit. Now, that's a reference sample that you  
14 prepare; is that correct?

15 A. I do.

16 Q. And you prepare it at .08?

17 A. The target value would be .08.

18 Q. The ones that go into service actually have  
19 .08; is that correct?

20 A. Again, the target value would be .08.  
21 Sometimes it's a .079. Sometimes it's an 82.

22 Q. But it's a known sample?

23 A. It is.

24 Q. Okay. Now, as time goes through, you have to  
25 eventually replace these reference samples; is that

1 correct?

2 A. You do.

3 Q. And it's normally because they're losing  
4 alcohol; is that correct?

5 A. Yes.

6 Q. Have you ever had a situation where they gained  
7 alcohol?

8 A. I can't think of one offhand.

9 Q. Okay. So just for example, in this case, the  
10 reference sample was .076. That doesn't mean the  
11 machine is reading low necessarily, does it?

12 A. No. The target value being .08, and the value  
13 you're obtaining on this particular breath test was 76.  
14 So that's pretty good.

15 Q. Okay. But the reference sample does lose  
16 alcohol at times, so it could even be below .076; is  
17 that correct?

18 A. Depending on how long it stays there and the  
19 number of tests that are run and how much the alcohol  
20 has depleted, sure. It's going to start off a certain  
21 value and over time it's generally going to deteriorate.

22 Q. Now, temperature affects the way this  
23 instrument reads things. For example, this reference  
24 sample needs to say 34 degrees centigrade; is that  
25 correct?

1 A. It does.

2 Q. And if that changes, then the reference check  
3 would not be valid; is that correct?

4 A. If the temperature goes up, the resulting  
5 amount of alcohol also increases. If it goes down, you  
6 lose alcohol. So depending on how far it goes up or how  
7 far it goes down, that's a possibility.

8 Q. Now, even though this reference sample is of a  
9 known alcohol content, the machine is still allowed the  
10 tolerance of .01; is that correct?

11 A. Correct. That allows me or gives me a window  
12 when I have a target value of .08, if I make it at 075,  
13 I'm still within that window.

14 Q. And this is dealing with a known substance and  
15 a known temperature, correct?

16 A. Sure.

17 Q. Now, earlier you said that you can just look at  
18 the slip and make sure that the things that were  
19 supposed to be done on the slip were done correctly, but  
20 you can't observe things like the 15 minutes and the  
21 administrative period; is that correct?

22 A. I would agree.

23 Q. Okay. Now, if this test had been filmed on  
24 videotape, could you have observed that and see if  
25 everything was done correctly?

1           A.    If it's filmed on video and if somebody asks me  
2 or gives me a copy, sure.

3           Q.    So it could be critiqued as to whether or not  
4 proper procedures were followed at a later date if it  
5 was preserved on video?

6           A.    I suppose so.

7           Q.    And, in fact, when you make -- blow in a sample  
8 in this, it makes a noise; is that correct?

9           A.    It will make a tone, correct.

10          Q.    So you're able to see whether or not somebody  
11 gives a sufficient sample size or other things are  
12 followed just by the sound of the tone; is that correct?

13          A.    You wouldn't know about a sufficient sample  
14 size. Tone simply tells you that an individual is  
15 blowing.

16          Q.    Whether or not they're blowing properly; is  
17 that correct?

18          A.    I would agree with that.

19          Q.    Now, part of the purpose of the 15 minutes  
20 deals with mouth alcohol; is that correct?

21          A.    It is.

22          Q.    I know there's a slope detector, but isn't it  
23 true the slope detector doesn't work at levels closer to  
24 .08 all of the time?

25          A.    No, never heard that one.

1 Q. So when you went to Borkenstein, they didn't  
2 tell you the only sure safeguard to prevent mouth  
3 alcohol is a 15-minute-observation period?

4 A. No, there's -- Actually, a 15-minute  
5 observation is not your only safeguard. You want to  
6 layer your things in there because that is an area in  
7 breath testing that is of concern. So not only do you  
8 have that 15-minute observation, you have a slope  
9 detector, which is the instrument, and you collect two  
10 breath samples separated by a given period of time. All  
11 of those have to work together.

12 Q. An important part of that is the 15-minute-  
13 observation period; is that correct?

14 A. Sure. That's one of the three things.

15 Q. Without that, you don't have the certainty that  
16 there's not mouth alcohol?

17 A. If you don't have a 15-minute-observation  
18 period, as defined in the rules and regulations, you  
19 don't have a valid test.

20 Q. Okay. Now, we talked a little bit about the  
21 reference sample. Now, that was -- That is a plus or  
22 minus a .01?

23 A. It does.

24 Q. That's 12 1/2 percent that it's allowed a  
25 tolerance difference for either a .07 or .09; is that

1 correct?

2 A. .070 to 090.

3 Q. Okay. Now, when you start dealing with humans,  
4 the tolerance levels goes up; is that correct?

5 A. Yes, it does.

6 Q. And that's because you're involving more  
7 biological variables into the equation; is that correct?

8 A. You are.

9 MR. JENKINS: Your Honor, may I approach?

10 THE COURT: Yes.

11 Q. (By Mr. Jenkins) Now, the sample is -- First  
12 off, there's two samples in this score; is that correct?

13 A. There are two samples in one test.

14 Q. Now, when you're doing evaluations on a  
15 particular score, you're going to pick the lower score;  
16 is that correct?

17 A. Well, both have value. We're going to look at  
18 both. But if we're going to do any mathematics or  
19 statistics, we typically start with the lower of the two  
20 values.

21 Q. And that's to give the benefit of the doubt to  
22 the defendant; is that correct?

23 A. It is.

24 Q. Now, as to these particular scores, these are  
25 two different scores; is that correct? They're not the



1 same number, right?

2 A. Sure.

3 Q. Now, as far as scientific terms, these are the  
4 same test; is that correct? This is not an indicator  
5 that his alcohol level is going down, is it?

6 A. I would agree. There's -- You cannot draw a  
7 conclusion about whether somebody's alcohol  
8 concentration is increasing or decreasing when you have  
9 samples separated by only two or three minutes.

10 Q. So there's not a change in .05 between these  
11 two samples?

12 A. Well, the two samples differ in the amount of  
13 alcohol present: sample number one had 123, sample two  
14 had 118. I would conclude together that tells me that  
15 individual is about a .12.

16 Q. Okay. But each particular one, there's no  
17 movement between back and forth necessarily; is that  
18 correct?

19 A. Correct. I would not draw a conclusion.

20 Q. Okay. Now, since we're going to use the .118  
21 for all purposes -- Is that what you would use if we  
22 asked you to do any mathematical calculations on this?

23 A. That's fine.

24 Q. Okay. Now, if he had taken -- All right. If  
25 he had taken a third sample -- Let's say his second

1 sample had been his first sample and he had taken a  
2 third sample, then the machine would have a tolerance  
3 level of .02; is that correct?

4 A. The two samples have to agree by .02 or better.

5 Q. Okay. So the machine would have then accepted  
6 a score of .138 or a .098; is that correct?

7 A. That's the maximum difference between the two  
8 samples if one sample is 118.

9 Q. And you said that's the tolerance level that  
10 the scientific director has allowed; is that correct?

11 A. It is.

12 Q. Okay. Okay. Now, those particular samples, on  
13 the reference sample -- You said they checked the  
14 temperature; is that correct?

15 A. We do.

16 Q. And it's at 34 degrees Celsius; is that  
17 correct?

18 A. It is.

19 Q. Okay. Now, in this particular case, did they  
20 check the temperature before they did these tests as far  
21 as the actual breath test?

22 A. The temperature of what? The individual or --

23 Q. Of the breath.

24 A. Of the subject?

25 Q. Yes.

1           A.    I would presume no, but I wasn't present.  I  
2 couldn't say what did occur or what did not occur.

3           Q.    But the machine doesn't automatically test the  
4 temperature of the breath, does it?

5           A.    No.

6           Q.    Now, since we're dealing with vapors, you said  
7 earlier, gases are affected by temperature.  Is that a  
8 fair statement?

9           A.    They are.

10          Q.    And, in fact, you said if the reference sample  
11 increased in temperature, the score of the reference  
12 sample would also go up; is that correct?

13          A.    It does.

14          Q.    Is it true that breath also goes up the higher  
15 the temperature of breath?

16          A.    It's been the general concern -- general belief  
17 in breath testing that yes, actually, some of the data  
18 that we're looking at in research now, the newest  
19 papers, are saying there's not as much correlation as we  
20 originally thought.

21          Q.    Okay.

22          A.    So, yes and no.

23          Q.    But you would say that there is an increase  
24 when the temperature goes up?

25          A.    I would say in years past, for 30 or 40 years,

1 people in breath testing thought yes. The newer papers  
2 that are coming out now are saying there really isn't an  
3 effect on body temperature. So it's coming back to  
4 square one. We really don't know anymore.

5 Q. You would agree that in the past you said  
6 there is an effect?

7 A. Sure. I have.

8 Q. And, in fact, even earlier this year, you would  
9 have said that there is an effect; is that correct?

10 A. Correct. The articles I'm referring to are hot  
11 off the press this summer and they're just very  
12 surprising.

13 Q. As soon as in late May, you were still saying  
14 there was an effect as to the temperature; is that  
15 correct?

16 A. Sure.

17 Q. And, in fact, you would say that for a .10  
18 concentration, for every degree centigrade, you're  
19 looking at a difference of .026; is that correct?

20 A. No. For every degree -- definitely still true  
21 for a simulator value. For every one degree Celsius  
22 increase, it is like from 34 to 35, we see an increase  
23 of .006.

24 Q. Okay. .006?

25 A. Yes.

1 Q. Okay. Now, do you remember testifying in an  
2 ALR hearing on May 24th?

3 A. Not offhand.

4 MR. JENKINS: Your Honor, may I approach?

5 THE COURT: (Moves head up and down)

6 Q. (By Mr. Jenkins) I'm handing you a transcript  
7 of an ALR hearing. You might remember that name because  
8 it's kind of unique -- I don't know. I know you do a  
9 lot of them. But, if you would -- I have it there and I  
10 closed it to show you the cover. Right there where it  
11 talks about the breath sample and on to the next page.  
12 Does it -- Does it not say .026?

13 A. The transcript does. Looks to me -- I would  
14 conclude it's probably a typo in the transcript.

15 Q. All right. So you're saying you didn't say  
16 that?

17 A. No. That's not the relationship. The  
18 difference for one degree Celsius is a difference of  
19 .006. So I don't know if we're talking about a three  
20 degree difference then, yes -- actually, about three and  
21 a half degrees Celsius. That gets you to a .02. Other  
22 than that, I don't know.

23 Q. Okay. But you will agree that that transcript  
24 does say that?

25 A. Sure.

1 Q. So you're now saying a .006 was your previous  
2 statement?

3 A. I would have to go back and actually hear the  
4 audio, if they're -- if they are. I couldn't tell you  
5 where the typo is there.

6 Q. I'm not -- I don't mean as far as -- Disregard  
7 the stuff about the transcript. The transcript says  
8 what it says. We both agree that's what it says. Now  
9 you're saying you didn't say that, but what I'm saying  
10 is: What are you saying now? It's .006? Is that the  
11 number you're saying?

12 A. Correct. That's the relationship between for  
13 every one degree increase in Celsius, say from 34 to 35,  
14 then we see an increase in the apparent alcohol  
15 concentration of .006.

16 Q. All right. I'm sure you're familiar with the  
17 work of Dr. Kurt Dubowski.

18 A. Sure. Kurt and I are friends.

19 Q. And were you aware of the study he did on  
20 breath parameters in human subjects applicable to breath  
21 alcohol analysis that was reprinted from Alcohol, Drugs  
22 and Traffic Safety?

23 A. Not specifically off the top of my head. Kurt  
24 is a prolific author.

25 Q. Okay. Well, let me tell you what it's about

1 and maybe it will ring a bell. In that article, they  
2 did some tests of end-expiratory breath temperatures  
3 measured at the mouth.

4 A. Okay.

5 Q. And the range of breath temperatures ranged  
6 from 3 -- 32.41 and 36.32. Is that a fair statement?

7 A. I don't know. I haven't seen the article.

8 MR. JENKINS: Okay. Your Honor, may I  
9 approach?

10 THE COURT: (Moves head up and down)

11 Q. (By Mr. Jenkins) The chart is on the second  
12 page.

13 A. Are you referring to men, women, total?

14 Q. Men. Sorry.

15 A. Okay. The range: the low being 32.4, the high  
16 being 36.3.

17 Q. Okay. And would you agree with that as a  
18 reasonable estimate of breath temperature ranges?

19 A. Sure. Those were the data that he obtained  
20 when he did the study.

21 Q. Okay. I'll take that back for a second.

22 And when you convert human body  
23 temperature from 98.6 degrees to Celsius, it's at 37  
24 degrees; is that correct?

25 A. That's about right.

1 Q. All right. Now, when you blow into a breath  
2 test machine, that's going to go between a different  
3 route than the simulator; is that correct?

4 A. For part of the path, sure. The two will join  
5 once they're inside the instrument.

6 Q. And part of the way where it passes through  
7 there is through a heated tube; is that correct?

8 A. It is.

9 Q. Okay. What temperature is that tube heated to?

10 A. There's no set temperature. All we want to do  
11 is keep it above the condensation point for alcohol.

12 Q. So you don't -- You don't know what temperature  
13 the heated tube is?

14 A. Not offhand. It's probably going to be around  
15 45. Some are a little hotter than others.

16 Q. All right. Knowing what you know about this  
17 particular breath score, looking into all of your  
18 samples, do you have any idea as to what this  
19 individual's score would have been 2 hours and 15  
20 minutes earlier?

21 A. If the only information I have is the  
22 individual provided a breath sample of around a .12 -- I  
23 don't have the actual test record.

24 MR. JENKINS: Your Honor, may I approach?

25 THE COURT: (Moves head up and down)



1           A.     Thank you. A 123 and 118 at about 15 minutes  
2 after 11:00. If we want to go back to 9:00 o'clock, 2  
3 hours earlier, the individual could be higher than the  
4 values on the test record, could be lower than, or could  
5 be equal to: one of those three.

6           Q.     And the factors that influence that is whether  
7 or not the person's body is absorbing alcohol and the  
8 rate it's eliminating it. Is that a fair statement?

9           A.     Those play into the equation, sure.

10          Q.     Okay. Now, if someone's absorbing alcohol,  
11 their alcohol level absorption is rising faster than  
12 elimination, the breath alcohol level is going to rise;  
13 is that correct?

14          A.     That's correct.

15          Q.     And when elimination is faster than absorption,  
16 the alcohol level is going to drop; is that correct?

17          A.     It is.

18                   MR. JENKINS: Your Honor, may I approach?

19                   THE COURT: (Moves head up and down)

20          Q.     (By Mr. Jenkins) So if this is a person's  
21 breath alcohol level over time, while it's absorbing,  
22 it's going to go up; is that correct?

23          A.     That's true.

24          Q.     And then once that elimination meets, wherever  
25 the max breath alcohol level is, it's going to go down

1 at a steady rate of the elimination rate. Is that a  
2 fair statement?

3 A. It does.

4 Q. When you said earlier that it could be greater,  
5 the same, or lower, it depends on what part of this  
6 curve it's on. Is that a fair statement?

7 A. Sure. What you're doing is comparing two  
8 separate points on a curve.

9 Q. So if it's at 9:00 o'clock and he's pulled over  
10 here, and he's tested here, your score is going to be  
11 higher; is that correct?

12 A. That's true.

13 Q. At the same token, if he's pulled over here and  
14 he's tested here, then his score is going to be lower;  
15 is that correct?

16 A. That is.

17 Q. Now, we talk about how elimination is a  
18 constant; is that correct?

19 A. It is.

20 Q. Maybe different for every person but, in  
21 general, that person is going to be a pretty constant  
22 rate; is that correct?

23 A. It's fairly constant.

24 Q. So the important factor is whether or not the  
25 person is still absorbing alcohol; is that correct?

1 A. It's one of the important factors.

2 Q. Okay. Now, when you say absorbing alcohol, you  
3 mean taking the alcohol, however it is put into the  
4 body, into the blood; is that correct?

5 A. That is.

6 Q. Okay. So, for example, alcohol in the stomach  
7 is not absorbed yet; is that correct?

8 A. I would agree.

9 Q. Would it be a fair statement that alcohol, if  
10 you have an amount of alcohol in the stomach, that's not  
11 going to affect you at all until it gets into your  
12 blood? Is that correct?

13 A. You're not going to have the physiological  
14 effects until you start absorbing it into the blood  
15 system and it gets delivered into the central nervous  
16 system.

17 Q. Now, there are two main factors, would you  
18 say -- Well, there's a bunch of factors involved, but  
19 there are certainly two factors that can affect how long  
20 this absorption period will last. First, would be the  
21 last drink.

22 A. Agree.

23 Q. Because if your last drink was -- Let's say you  
24 went out drinking the night before and you woke up in  
25 the morning. You're obviously going to be in the

1 elimination period.

2 A. Hopefully.

3 Q. Just depends on how long you slept and how much  
4 you drank, but -- At that point, we know it's an  
5 elimination period, whereas, right after you have your  
6 first drink, you're probably in your absorption period.  
7 Is that a fair statement?

8 A. That's fair.

9 Q. Okay. So you need to know the time of the last  
10 drink.

11 A. You do.

12 Q. Okay. And the closer to the time of the last  
13 drink, the more likely you are to be in the absorption  
14 state. Is that a fair statement?

15 A. From a time point -- Yes. The time of last  
16 drink is going to be important because the time it takes  
17 to absorb that alcohol can vary. And there is a set of  
18 times.

19 So if you want to compare -- For example,  
20 it's 10:45 now. If I had my last drink at 10:30, then  
21 I'm still absorbing. The further we are -- we go  
22 backwards in time, the less likely it is that I'm still  
23 absorbing.

24 Q. Okay. And would -- Let's say that if you had  
25 drinks on an empty stomach, you're most likely going to

1 absorb that quicker.

2 A. You are.

3 Q. Probably in 30 minutes to an hour.

4 A. Agree.

5 Q. Okay. So from the time of the last drink if  
6 you don't have anything to eat, you're looking at, you  
7 know, somewhere between 30 in the last -- 30 minutes and  
8 an hour; is that correct?

9 A. I would agree.

10 Q. Okay. Now, when you put food into that, that  
11 changes the whole situation, doesn't it?

12 A. It will.

13 Q. And part of that is if you have enough of the  
14 right type of food, it can close off the valve from your  
15 stomach to your intestines; is that correct?

16 A. It does.

17 Q. You know, what that does is it provides a  
18 stopper where the alcohol sits in your stomach. Is that  
19 a fair statement?

20 A. It is.

21 Q. Okay. Now, if that valve is open, it goes into  
22 your intestines and that absorbs alcohol fairly quickly  
23 -- or comparatively quickly.

24 A. Almost immediately.

25 Q. So, one of the chief questions as to how long

1 it is is whether or not someone had a meal; is that  
2 correct?

3 A. For someone in my position wanting to draw  
4 conclusions about a particular case, yes, those are some  
5 of the questions that I ask.

6 Q. Okay. Now, even though we said that the  
7 absorption period, you know, may be 30 minutes to an  
8 hour on an empty stomach, that's the best case scenario  
9 as far as absorbing alcohol; is that correct?

10 A. I'm not sure what you mean by "best case  
11 scenario," but --

12 Q. You can't do anything to -- Other than  
13 injecting the alcohol, you can't do anything to speed up  
14 the absorption more than not eating.

15 A. Oh, I would agree.

16 Q. Okay. Now -- But depending on varying degrees,  
17 are you familiar that Kurt Dubowski has said that the  
18 absorption period can last from 14 minutes to 138  
19 minutes?

20 A. Sure.

21 Q. So there are -- There are certain examples  
22 where the absorption period can last up to 138 minutes;  
23 is that correct?

24 A. I would agree, yes.

25 Q. Okay. So -- Okay. So 138 minutes. That's 2

1 hours and 18 minutes; is that correct?

2 A. It is.

3 Q. Okay. Which would be longer than the time  
4 between the test and the arrest in this particular case?

5 A. I haven't actually been furnished that time  
6 period, so I don't know.

7 Q. As far as the absorption level, let's assume  
8 for a minute a .118 breath test 2 hours and 15 minutes  
9 away from a point in time. Is there a possible scenario  
10 where the defendant has a blood -- a breath alcohol  
11 level of lower than .08?

12 A. I'm writing numbers to keep up with everything  
13 here. So 2 hours and 15 minutes between the two. And  
14 when is the time of last drink?

15 Q. Unknown.

16 A. Is there a scenario where I can put somebody  
17 under .08 at 9:00 o'clock and 2 hours and 15 minutes  
18 they could be at, say, a .12? Sure. If you leave that  
19 time of last drink open, if you consume a significant  
20 amount of alcohol right before 9:00 o'clock, at 9:00  
21 o'clock you're probably going to be under .08 and you  
22 would still hit that target value of .12 at 2 hours  
23 later.

24 Q. Now, would it be a fair statement to say that  
25 that scenario is much more likely if the person has

1 consumed a meal, which would slow down the absorption  
2 period, right?

3 A. Typically what will happen with meals is it  
4 lowers the peak alcohol value, but you will obtain that  
5 for a longer period of time.

6 Q. So, it will allow you to continue out over that  
7 full 2 hours and 15 minutes rather than reaching your  
8 peak at somewhere around an hour. Is that a fair  
9 statement?

10 A. It will.

11 Q. So having a meal will increase that chance. Is  
12 that a fair statement?

13 A. Depends on when that meal is consumed, whether  
14 it's before, whether it's after the beverages, the size  
15 of the meal. You're not giving me much concrete. It's  
16 still real --

17 Q. Recently consuming alcohol would be another  
18 factor that would increase the chances of it being below  
19 .08. Is that a fair statement?

20 A. Sure.

21 Q. Okay. Now, --

22 MR. JENKINS: Pass the witness, Your

23 MR. [REDACTED]: Nothing further from the  
24 State, Judge.

25 THE COURT: Is this witness excused?



1 MR. [REDACTED]: From the State, yes.

2 MR. JENKINS: Yes, Your Honor.

3 THE COURT: You may step down, sir. You  
4 are excused.

5 (End of excerpt of proceedings)

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 STATE OF TEXAS )

2 COUNTY OF TARRANT )

3 I, Toni Freeman, Official Court Reporter in and for  
4 County Criminal Court Number Nine of Tarrant County,  
5 State of Texas, do hereby certify that the above and  
6 foregoing contains a true and correct transcription of  
7 the testimony of witness Mark Fondren as requested  
8 by counsel for the parties to be included in this volume  
9 of the Reporter's Record, in the above-styled and  
10 numbered cause, all of which occurred in open court or  
11 in chambers and were reported by me.


12 WITNESS MY OFFICIAL HAND this the 14th day of  
13 November, 2007.

14

15

16

17



18

Toni Freeman, Texas CSR 1823

19

Expiration Date: 12-31-09

20

Official Court Reporter

21

County Criminal Court Number Nine

22

Tarrant County, Texas

23

401 W. Belknap

24

Fort Worth, Texas 76196

25

(817) 884-3410

TONI FREEMAN, CSR  
Official Court Reporter, CCC9